



UNITED STATES PATENT AND TRADEMARK OFFICE

UNITED STATES DEPARTMENT OF COMMERCE
United States Patent and Trademark Office
Address: COMMISSIONER FOR PATENTS
P.O. Box 1450
Alexandria, Virginia 22313-1450
www.uspto.gov

APPLICATION NO.	FILING DATE	FIRST NAMED INVENTOR	ATTORNEY DOCKET NO.	CONFIRMATION NO.
-----------------	-------------	----------------------	---------------------	------------------

09/768,196

01/22/2001

Ronald J. Lebel

047711-0221

1919

90319

7590

06/08/2011

Foley & Lardner LLP
555 South Flower Street
Suite 3500
Los Angeles, CA 90071-2411

EXAMINER

DESANTO, MATTHEW F

ART UNIT

PAPER NUMBER

3763

MAIL DATE

DELIVERY MODE

06/08/2011

PAPER

Please find below and/or attached an Office communication concerning this application or proceeding.

The time period for reply, if any, is set in the attached communication.

RECORD OF ORAL HEARING
U. S. PATENT AND TRADEMARK OFFICE

BEFORE THE BOARD OF PATENT APPEALS
AND INTERFERENCES

Ex parte RONALD J. LEBEL, VARAZ SHAHMIRIAN,
DANIEL H. VILLEGAS, DAVID Y. CHOY, PHILIP T. WEISS, and
PAUL M. MEADOWS

Appeal 2009-010118
Application 09/768,196
Technology Center 3700

Oral Hearing Held: March 8, 2011

Before WILLIAM F. PATE, III, STEFAN STAICOVICI , and
FRED A. SILVERBERG, *Administrative Patent Judges.*

APPEARANCES:

ON BEHALF OF THE APPELLANT:

TED R. RITTMASER, ESQUIRE
Foley & Lardner, LLP
555 South Flower Street, Suite 3500
Los Angeles, California 90071-2411

1 The above-entitled matter came on for hearing on Tuesday, March 8, 2011,
2 commencing at 9:00 a.m., at the U.S. Patent and Trademark Office, 600
3 Dulany Street, Alexandria, Virginia, before Victor Lindsay, a Notary Public.

4 P R O C E E D I N G S

5 MR. RITTMASMR: Good morning.

6 JUDGE PATE: We're coming to you from the electronic hearing
7 room at the Patent and Trademark Office, and this is the hearing for 2009-
8 10118. It's our docket number 5. And who am I speaking to, please?

9 MR. RITTMASMR: I am Ted Rittmaster. I'm with the law firm of
10 Foley and Lardner and I am representing Medtronic-MiniMed.

11 JUDGE PATE: Okay. Could you spell your last name for the court
12 reporter, please?

13 MR. RITTMASMR: Yes. Last name is Rittmaster, R-I-T-T-M-A-S-
14 T-E-R.

15 JUDGE PATE: Okay. Let me introduce the panel here. We've got
16 Judge Silverberg, he's sitting on my left, and Judge Staicovici is sitting on
17 my right, and I'm Judge Pate; I'll be presiding. And you have 20 minutes
18 for argument and you may begin now.

19 MR. RITTMASMR: Thank you very much. As I mentioned, I
20 represent Medtronic-MiniMed. Medtronic Corporation is a leading
21 manufacture of medical products and Medtronic-MiniMed focuses on
22 medical products for treating and management of diabetes.

23 Embodiments of the invention that we're dealing with today relate to
24 a medical system that has two basic components. It has a portable medical
25 device and a communication device. Now, in one example context in the
26 application, this is our Figures 1A and B, the portable medical device may

1 be an infusion pump designed to pump a treatment medium, like insulin, into
2 a patient, and a portable medical device may be implanted in the patient or it
3 may be something that's carried by the patient on a belt or in a pocket. The
4 communication device part of the system is a separate device that
5 communicates with the medical device and this can be a hand-held device.
6 An example of one is shown in our Figure 2. It is a computerized
7 communication device that communicates information or instructions to the
8 medical device and it receives information from the medical device. It has a
9 display that can show a number of patient-programmable options on one or
10 more menus, we'll call these first menus, and at least one of these
11 programmable options may be enabled and disabled by the user from a
12 second menu. When it's disabled, the option is no longer shown on the first
13 menu. I'm going to come back to this a little bit, but I just wanted to point
14 that out initially because that's an important feature of how the claims are set
15 out.

16 And why is this important? As the popularity and use of medical
17 devices increases, medical devices are being developed for a larger
18 population, a larger group of the population. To address the needs of a
19 larger population group, devices are being provided with a variety of
20 different options and functions. Some of these are programmable to meet
21 particular needs. Some of these options might be options for sensing or
22 monitoring different conditions or activities of the patient. In the context of
23 diabetes management, this might be monitoring food intake, sleep time.
24 Other options may be providing different types of treatment to a patient.
25 Again, in the context of diabetes management, this might be pumping of
26 insulin in bolus amounts, these are discrete types amounts at different times,

1 or basal amounts, which is a continuous -- could be discrete amounts over a
2 continuous period of time or a continuous flow and how these are controlled.
3 All of these can be programmable.

4 A given patient device can have many different functions that are
5 useful that are provided in the device so that the device can be useful for a
6 large group of the population. For a particular patient, not all of those
7 options or functions are necessary and sometimes it's desirable to disable
8 options so that that patient doesn't possibly accidentally use that option or
9 change a parameter. What Medtronic has realized is that when you have a
10 device that's made for the general population with a lot of different options
11 that are programmable by the user or by the medical technician where you
12 might want to disable some of these options, it could be difficult to scroll
13 through and find the correct options, the options that you want, if you have
14 too many disabled options on your menus. So, what Medtronic inventors
15 have developed is a menu system where you have a first menu that would
16 show patient controllable options, a second menu that allows you to disable
17 or enable certain ones of those options on the first menu, but also when those
18 options are disabled on the first menu, they are no longer displayed on the
19 first menu. The patient no longer, or the medical technician now, no longer
20 has to scroll through a number of disabled and unused options to find the
21 right option or the option that they want to adjust or look at.

22 Now, independent claim 12 of the present application, that's our main
23 independent, first independent claim, I should say, it includes a phrase in
24 there that's relevant to what I've been talking about and if I could just, I hate
25 to do this, but I'm reading a phrase right out of the claim, but I think it's
26 important to understand the language of it. It says that the CD display is

1 controlled to depict a plurality of patient programmable options on at least
2 one first menu and where at least one of the patient programmable options
3 may be enabled and disabled at different times from a second menu, such
4 that when disabled that at least one programmable option is no longer
5 displayed on the first menu as an option, while at least one other enabled
6 option is still displayed on that first menu. So, that feature that I was talking
7 about is recited right in claim 12. What we've been trying to point out
8 through the prosecution is that none of the patent references of record teach
9 or suggest a medical device that has those features, and similar comments
10 would apply to claim 29, but where rather than menus it talks about first and
11 second display screens, but otherwise, it's very similar language.

12 The Examiner raises rejections based on combinations of patent
13 references and those are the Tune et al. reference, Goedeke, Moon, Causey,
14 and there's another reference, Er, that was used in rejecting claim 12 in
15 combination. But, none of those references teach a display that depicts a
16 patient programmable option on a first menu where at least one of those
17 options is enabled and disabled from a second menu and when that option is
18 disabled, it's no longer shown on the first menu.

19 An example, Tune, which was one of the primary references cited
20 against us, describes a control panel, a display screen that has display
21 screens as shown in Tune's Figures 27, 28 and 29, and one of the display
22 screens, 27, can be used to select a delivery mode, like a continuous flow or
23 a discontinuous flow of a medical drug, like insulin for example. Once the
24 delivery mode is selected from that Figure 27 screen, the parameters for that
25 delivery mode are then displayed on another screen and that's what's shown
26 in Figure 28, and the status of that selected drip delivery mode are shown on

1 the screen 29, but none of those screens operate to allow you to enable and
2 disable a patient selectable option on one screen, where when you disable it,
3 it no longer is shown on that screen while other enabled options are.

4 Causey is another primary reference cited in one of the rejections and
5 again, this reference while it discloses programmable options, a medical
6 device that can be programmed to perform various functions and have
7 certain options, as we see it, there's no disclosure of a first menu that has
8 patient programmable options and a second menu that is used to enable and
9 disable those options where they're no longer then displayed on the first
10 menu when disabled.

11 The Moon reference was recently cited. This reference, while it
12 shows a meter display area that has a rotating or sequencing meter and
13 another display area that allows you to add meters to that meter display area.
14 The meter display area is not a menu of, again, patient programmable
15 options. It's just simply a display of meters and those meters also are not
16 enabled and disabled when they're not shown on the display. They're still
17 monitoring, they just may not be shown on the display.

18 In summary then, what I've tried to point out is that the features that
19 we recited in claim 12 relating to the patient-programmable options on the
20 first menu being enabled or disabled from the second menu and no longer
21 shown on the first menu, it provides some significant advantages in the
22 context of making a medical device very easy to operate and that can make
23 or break the -- or make the system either usable or not usable in the context
24 of the general population.

25 I'm sorry if I went over my 20 minutes but I haven't been watching
26 real closely on the time, but if you have any questions, I'd be happy --

1 JUDGE PATE: No. You're good with respect to time.

2 Do you have any questions, Judge Silverberg?

3 Any questions, Judge Staicovici?

4 JUDGE STAICOVICI: Yes, I have a question in regard to claim 12.

5 Can you hear me?

6 MR. RITTMASER: Yes. Yes, I can.

7 JUDGE STAICOVICI: The status of being disabled or enabled seems
8 to be only related to displaying something on the screen, on the monitor. It
9 has nothing to do with the working of the application itself. Isn't that what
10 Moon does? It merely displays the icon of status of the battery or it does not
11 display it.

12 MR. RITTMASER: He does display or not display. I agree with
13 that. What he is displaying is a meter. It's not a patient programmable
14 option on a menu.

15 JUDGE STAICOVICI: But, isn't that the combination of the
16 Examiner? The combination of the two references would eventually display
17 a menu of programmable options for a medical device.

18 MR. RITTMASER: Again, I don't think that any of the references,
19 even in combination, would show that you can use one menu to enable or
20 disable an option on another menu. While one might show menus, and using
21 menus to select options, they run into that issue of if you do select to not use
22 an option, that option is still on that menu and where Moon describes adding
23 or removing meters from a meter display, again, that is not a teaching of
24 removing an option from a menu, a patient selectable option from a menu.
25 Having menus -- The two of them in combination, do not address the issue
26 of having menus that are overcrowded with unused or disabled options.

1 JUDGE PATE: Any other questions, Judge Staicovici?

2 JUDGE STAICOVICI: No.

3 JUDGE PATE: We have no more questions for you, so we're going
4 to take this case under advisement and this video hearing is concluded.
5 Thank you very much.

6 MR. RITTMASER: Thank you very much.

7 (Whereupon, the proceedings, at 9:15 a.m., were concluded.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

26